

Exhibit E

IN THE UNITED STATES DISTRICT COURT
FOR THE SOUTHERN DISTRICT OF WEST VIRGINIA
AT CHARLESTON

JO HUSKEY AND ALLEN HUSKEY, :
Plaintiffs, : CASE NUMBER
v. : 2:12-cv-05201
ETHICON, INC., ET AL., :
Defendants. :

TRANSCRIPT OF TRIAL - DAY TWO

AUGUST 25, 2014

BEFORE THE HONORABLE **JOSEPH R. GOODWIN,**
UNITED STATES DISTRICT JUDGE

Court Reporter: Carol Farrell, CRR, RMR, CCP, RPR
(304) 347-3188
carol_farrell@wvsc.uscourts.gov
Anthony Rolland, CRR, RMR, RPR
(407) 760-6023
rolland.crr@gmail.com

Proceedings recorded by machine stenography; transcript
produced by computer.

A P P E A R A N C E S

FOR THE PLAINTIFFS:

EDWARD A. WALLACE, ESQUIRE
MARK R. MILLER, ESQUIRE

Wexler Wallace
55 West Monroe Street, Suite 3300
Chicago, IL 60603

FIDELMA L. FITZPATRICK, ESQUIRE

Motley Rice
321 South Main Street, Suite 200
Providence, RI 02903

JEFFREY M. KUNTZ, ESQUIRE

Wagstaff & Cartmell
4740 Grand Avenue, Suite 300
Kansas City, MO 64112

FOR THE DEFENDANTS:

CHRISTY D. JONES, ESQUIRE

Butler, Snow, O'Mara, Stevens & Cannada, PLLC
1020 Highland Colony Parkway, Suite 1400
Ridgeland, MS 39157

DAVID B. THOMAS, ESQUIRE

PHILIP J. COMBS, ESQUIRE

SUSAN M. ROBINSON, ESQUIRE

Thomas Combs & Spann
PO Box 3824
Charleston, WV 25338-3824

—GUELCHER - DIRECT - WALLACE—

1 MR. THOMAS: Your Honor, these are just kind of SEM
2 images in the air. They're not tied to anything. They're
3 scanning electronic microscopy images that aren't tied to
4 anything, and I don't think there's an adequate foundation for
5 them to be --

6 THE COURT: This time I have to sustain the
7 objection.

8 MR. WALLACE: That's fine. Thank you, Your Honor.

9 BY MR. WALLACE:

10 Q. You mentioned a dog study. Can you tell me whether or
11 not you reviewed any Ethicon documents relating to a dog study
12 and whether -- I will just leave it there.

13 A. Yes, I did.

14 Q. Okay. And did you review those documents in connection
15 with reaching your opinions in this case?

16 A. Yes, I did.

17 MR. WALLACE: 13152 would be the exhibit I'd like to
18 offer, Your Honor, absent an objection.

19 THE COURT: Is there an objection?

20 MR. THOMAS: No, Your Honor.

21 THE COURT: It may be received.

22 MR. WALLACE: Thank you, Your Honor.

23 (PLAINTIFFS' EXHIBIT P-13152 WAS RECEIVED IN EVIDENCE.)

24 THE COURT: Make sure there's a paper copy provided
25 to the Courtroom Deputy.

—GUELCHER - DIRECT - WALLACE—

1 MR. WALLACE: Can we pull up --

2 THE COURT: And provide it to the Courtroom Deputy.

3 BY MR. WALLACE:

4 Q. Can you please -- do you have it in front of you,
5 Dr. Guelcher?

6 A. Yes.

7 Q. Can you tell the jury what the document you have in front
8 of you is and the document that they have on the screen in
9 front of them?

10 A. So this is titled "Seven-Year Data for a Ten-Year Prolene
11 Study."

12 Q. And what is the date of that?

13 A. October 15th, 1992.

14 Q. And, in reviewing this document in connection with the
15 work that did you in this case, what conclusions did you draw?

16 A. Well, this document, again, showed evidence that the
17 polypropylene was changing and cracking on the surface of the
18 suture, that the Prolene suture was changing with time and
19 cracking.

20 Q. In the interest of time, Dr. Guelcher, I want you to look
21 at the conclusions that are found on the second page in the
22 middle of the document.

23 A. Yes.

24 Q. And I'm just going to take those, again, one at a time.
25 Can you -- and you have reviewed the entirety of this

—GUELCHER - DIRECT - WALLACE—

1 document?

2 A. Yes. It's very long. Yes.

3 Q. Can you tell me what impact that first bullet point
4 that's the conclusion there had on your opinions in this case?

5 A. The seven-year in vivo results generally substantiated
6 the five-year findings. They closely correspond to the
7 observations of the explanted sutures of the dog that died
8 prematurely, and these findings were that the Prolene was
9 cracking with time and that was increasing with time.

10 Q. I'd like just -- just to take a step back and give the
11 jury a little bit of context for this study. What do you
12 understand the study, this study to be about and how long it
13 went?

14 A. So, from my reading of the document, this study was
15 designed to be a ten-year study in dogs, to understand the
16 stability of the Prolene suture. So what happens -- how does
17 the Prolene suture change over time, and it's implanted in a
18 dog because this is -- we can do this in animals. You can't
19 do these type of experiments in humans, and the dog is a good
20 model, it's a large animal model. And so we can use these
21 data to tell us something about how Prolene sutures would
22 respond and how stable they are, how they'll react in a human.

23 And, again, it was designed to be a ten-year study.
24 One of the dogs died prematurely, not related to the suture,
25 at six years and ten-and-a-half months, and so they sacrificed

—GUELCHER - DIRECT - WALLACE—

1 all the dogs at seven years so they could get the data.

2 That's my understanding.

3 Q. And let's move on to the second bullet point. Tell me
4 what, if anything, this second conclusion -- what impact, if
5 any, it had on your opinions.

6 A. So the second conclusion states that degradation in
7 Prolene is still increasing, and PVDF, which is another
8 material that is less susceptible, so it's less reactive with
9 oxygen, PVDF was more stable, in terms of cracking. So my --
10 what I learned from this was that, with the increased time,
11 the degradation of Prolene is continuing. This is consistent
12 with the idea that the foreign-body reaction doesn't stop. It
13 just keeps going until the material is removed.

14 Q. Can you move on to the third conclusion and tell the
15 jury, what, if any, impact that had on your opinions in this
16 case?

17 A. Well, this is, again, noting that this reaction starts at
18 the surface, so the eight explanted Ethilon sutures all showed
19 heavy cracking, in many cases abrasion of the dyed surface
20 layer. A decrease in the suture diameter was apparent in
21 several cases. So Ethilon is a different type of material.
22 It was also degrading. And they noticed a decrease in the
23 diameter of the suture which, again, is consistent with this
24 idea that it starts at the surface and works its way in, until
25 you're gradually losing material until it works its way to the

—GUELCHER - DIRECT - WALLACE—

1 middle of the suture.

2 Q. Just a point of clarification, Dr. Guelcher. Are --
3 PVDF, that's not Prolene, is it?

4 A. No, that's a different material. That's polyvinylidene
5 fluoride. That's chemically different from polypropylene.

6 Q. Thank you.

7 Let's just move right on to the fourth bullet point.
8 And tell the jury what impact, if any, that had on your
9 opinions in this case.

10 A. Well, in this other type of material, they did not find
11 any cracks. There were some scratches. What this tells me,
12 that these four materials that they implanted were all
13 degrading at different rates. Some of them were more affected
14 by the reactive oxygen than others.

15 Q. Is Novafil polypropylene?

16 A. No.

17 Q. How -- how have the human Prolene suture study and this
18 dog study, how have they impacted your opinions on mesh, if at
19 all?

20 A. So, both the human explants that were explanted from
21 humans out to eight years and the seven-year dog study both
22 show that the polypropylene, the Prolene polypropylene, reacts
23 with the oxygen that's secreted by these inflammatory cells
24 and it changes the structure over time. So, as we progress
25 from one to five, seven, eight years, these changes get more

—GUELCHER - DIRECT - WALLACE—

1 severe, we see more cracking, more oxidation, more changes in
2 the properties of the polypropylene.

3 This is basically happening because of this
4 foreign-body reaction. And, in my opinion, these changes,
5 because the mesh is also made from propylene, this reaction
6 with oxygen, these changes in the surface will also occur with
7 the mesh because it's made from the same base material,
8 propylene.

9 Q. So, I'll try to ask it this way, Dr. Guelcher. Does the
10 fact that this is a Prolene suture affect at all your opinion
11 on what you referred to as the more-mesh opinion?

12 A. So, I think it's very important to remember that a suture
13 implanted under the skin or in a blood vessel is very
14 different than mesh implanted in the pelvic floor. Mesh has a
15 lot more polypropylene, a lot more Prolene, a lot more
16 surface, that can react with this oxygen.

17 So, I think what we can learn from the suture study is
18 that the Prolene is unstable and it reacts in the body.
19 Whether -- in this -- in my view, would lead to more studies
20 with the mesh actually in the anatomic location where I want
21 to use it, in the pelvic floor.

22 How does this oxidation affect the mesh in the pelvic
23 floor? This is, to me, an important unanswered question. But
24 what these studies point to is that Prolene does change over
25 time. That's my conclusion.

—GUELCHER - DIRECT - WALLACE—

1 Q. Well, since we're talking about Ethicon documents, beyond
2 the documents that the jury has seen and that have been
3 offered into evidence, did you review any other Ethicon
4 documents?

5 A. I reviewed a number of other Ethicon documents. These
6 are the two that struck me as the most -- in forming my
7 opinions.

8 Q. And in reviewing those Ethicon documents, did you see any
9 other studies like these that were actually done on the TVT-O
10 mesh or mesh of any kind?

11 A. There are a number of other studies looking at mesh,
12 complications of mesh, and what happens to mesh when it's
13 implanted in the body.

14 Q. Well, my question is more specific than that,
15 Dr. Guelcher.

16 My question is, specifically, in all of the internal
17 company documents that you reviewed, did you see whether or
18 not Ethicon ever did any sort of explant studies on their
19 mesh?

20 A. I haven't seen those documents, no.

21 Q. Is that at all important to you as a biomedical engineer
22 and how it might impact your opinions in this case?

23 MR. THOMAS: Objection, Your Honor.

24 THE COURT: Sustained.

25 BY MR. WALLACE:

—GUELCHER - DIRECT - WALLACE—

1 Q. Now, when you looked at these Ethicon documents, who
2 provided those to you?

3 MR. THOMAS: I'm going to object to the generic
4 description of documents. I really don't know what he's
5 talking about. I don't think the witness does either.

6 THE COURT: Sustained. The documents that have been
7 admitted into evidence, you may inquire about certainly.

8 MR. WALLACE: Thank you.

9 THE COURT: I'm not trying to limit you. I'm just
10 trying to hurry it.

11 MR. WALLACE: Okay. Sure. Then why don't I move on.

12 BY MR. WALLACE:

13 Q. Did you -- in connection with the work that you've done
14 on polypropylene, have you reviewed any literature?

15 A. Yes. There is a number of published papers on these
16 meshes and how they respond.

17 Q. In connection -- are you familiar with Drs. Costello and
18 Clavé?

19 A. Yes.

20 Q. Have you reviewed their work?

21 A. Yes, I have.

22 Q. Can you tell the jury -- can we go to the --

23 MR. THOMAS: Before you publish anything, may I have
24 a copy of whatever you're going to publish?

25 MR. WALLACE: It's in the PowerPoint.

—GUELCHER - DIRECT - WALLACE—

1 MR. THOMAS: Well, it's quotes from the study. I
2 object to this, isolated quotes from the study, Your Honor, as
3 opposed to the full study.

4 THE COURT: Do you have a copy of the full study that
5 you can provide counsel? If that's what you plan to
6 introduce.

7 MR. WALLACE: It's just the articles. They're marked
8 as exhibits. I'll give you the exhibit numbers, David. I
9 believe you have a copy in front of you.

10 THE COURT: Why don't you two get together.

11 MR. WALLACE: Sure.

12 THE COURT: Maybe over that way a little bit.

13 (Discussion held off the record between Mr. Wallace
14 and Mr. Thomas.)

15 MR. WALLACE: Your Honor, may I proceed?

16 THE COURT: You may.

17 MR. WALLACE: And, Mr. Thomas, you have the article.

18 BY MR. WALLACE:

19 Q. In connection with your work, did you perform a
20 literature search?

21 A. Yes, I did. I searched a number of papers on this.

22 Q. And in connection with your work, did you come across any
23 articles that dealt with polypropylene degradation in
24 explants?

25 A. Yes, I did.

—GUELCHER - DIRECT - WALLACE—

1 Q. And what articles were those?

2 A. Well, I've selected three that I believe make the point,
3 by Clavé, et al., and published in 2009; by Costello, et al.,
4 published in 2007; and by Wood, et al., published in 2013.

5 Q. And, for the record, the Clavé article is Exhibit 21457.

6 A. Yes, that's right.

7 MR. WALLACE: And absent an objection, I'd like to be
8 able to publish it to the jury.

9 THE COURT: 21 -- the number is?

10 MR. WALLACE: 21457.

11 THE COURT: 21457 may be admitted when presented to
12 the Courtroom Deputy.

13 (PLAINTIFFS' EXHIBIT P-21457 WAS RECEIVED IN EVIDENCE.)

14 MR. WALLACE: Thank you.

15 Your Honor, as a learned treatise, we'd -- it's my
16 understanding we would not be ultimately providing that to the
17 jury.

18 THE COURT: All right.

19 THE DEPUTY CLERK: It does not go to the jury?

20 THE COURT: That's correct.

21 MR. WALLACE: Correct. But we would like to publish.

22 MR. THOMAS: Yes.

23 MR. WALLACE: Thank you.

24 BY MR. WALLACE:

25 Q. So let's keep moving on, Dr. Guelcher. The article is in

IN THE UNITED STATES DISTRICT COURT
FOR THE SOUTHERN DISTRICT OF WEST VIRGINIA
AT CHARLESTON

JO HUSKEY AND ALLAN HUSKEY, :
Plaintiffs, : CASE NUMBER
v. : 2:12-cv-05201
ETHICON, INC., ET AL., :
Defendants. :

TRANSCRIPT OF TRIAL - DAY SEVEN

SEPTEMBER 02, 2014

BEFORE THE HONORABLE **JOSEPH R. GOODWIN**,
UNITED STATES DISTRICT JUDGE

Court Reporter: Carol Farrell, CRR, RMR, CCP, RPR
(304) 347-3188
carol_farrell@wvsd.uscourts.gov
Anthony Rolland, CRR, RMR, RPR
(407) 760-6023
rolland.crr@gmail.com

Proceedings recorded by machine stenography; transcript
produced by computer.

A P P E A R A N C E S

FOR THE PLAINTIFFS:

EDWARD A. WALLACE, ESQUIRE

MARK R. MILLER, ESQUIRE

Wexler Wallace

55 West Monroe Street, Suite 3300

Chicago, IL 60603

FIDELMA L. FITZPATRICK, ESQUIRE

Motley Rice

321 South Main Street, Suite 200

Providence, RI 02903

JEFFREY M. KUNTZ, ESQUIRE

ANDREW N. FAES, ESQUIRE

Wagstaff & Cartmell

4740 Grand Avenue, Suite 300

Kansas City, MO 64112

FOR THE DEFENDANTS:

CHRISTY D. JONES, ESQUIRE

Butler, Snow, O'Mara, Stevens & Cannada, PLLC

1020 Highland Colony Parkway, Suite 1400

Ridgeland, MS 39157

DAVID B. THOMAS, ESQUIRE

PHILIP J. COMBS, ESQUIRE

Thomas Combs & Spann

PO Box 3824

Charleston, WV 25338-3824

—THAMES - DIRECT - THOMAS—

1 THE COURT: Ladies and gentlemen, I think that we've
2 done very well. We haven't had to take very many unscheduled
3 breaks. This is a time when we have to take one.

4 Ten-minute break -- make it 15, and we'll look and
5 see how the morning goes. Do a 15-minute break.

6 I hope you enjoy that healthy stuff that's back in
7 the jury room. And I'll call you back when we're ready.

8 Court stands in recess.

9 (The jury left the courtroom at 10:03 a.m.)

10 (A recess was taken at 10:03 a.m.)

11 (The jury entered the courtroom at 10:22 a.m.)

12 THE COURT: Okay. Mr. Thomas.

13 MR. THOMAS: May I proceed, Your Honor?

14 THE COURT: Yes, sir.

15 BY MR. THOMAS:

16 Q. Dr. Thames, before the break I was asking you about
17 defendants' exhibit 23228 which is titled *Seven Year Dog*
18 *Study*. Did you review this document in connection with your
19 work in this case?

20 A. Yes, I did.

21 Q. And you relied on it for some of the opinions you have in
22 this case?

23 A. Yes, I did.

24 MR. THOMAS: Your Honor, I'd offer into evidence
25 defendants' exhibit 23228.

—THAMES - DIRECT - THOMAS—

1 MR. KUNTZ: No objection.

2 THE COURT: It may be received.

3 (Defendants' Exhibit 23228 received in evidence.)

4 BY MR. THOMAS:

5 Q. Dr. Thames, what is the *Seven Year Dog Study*?

6 A. A study where a number of dogs were used to implant
7 Prolene into the dogs and they were maintained over a period
8 of a number of years, in this case seven years, and at
9 intervals some of the dogs would be sacrificed. The sutures,
10 the Prolene sutures that were implanted in the dog would be
11 removed and would be evaluated, evaluated for molecular
12 weight, evaluated for tensile strength, evaluated for
13 elongation, and other features like the infrared spectroscopy
14 would be done and so forth. And at the end of seven years the
15 last dogs were used. And this study compiles data that was
16 collected in that manner for over a seven year period.

17 Q. Dr. Thames, the jury has already heard a little bit about
18 this study in the examination of Dr. Guelcher last week. I
19 want to direct your attention to page 115, excuse me, 116 of
20 this document. Jamie, could you pull that up, please?

21 Do you have that in front of you, Dr. Thames?

22 A. Yes, sir.

23 Q. Right in the middle of the page there's a heading called
24 optical microscopy and scanning electron microscopy. Are
25 those analytical chemical techniques?

—THAMES - DIRECT - THOMAS—

1 A. Yes, sir.

2 Q. Can you tell the jury what optical microscopy and
3 scanning electron microscopy is?

4 A. Sure. Optical microscopy is looking through a microscope
5 with no unnecessary additional energy input into it, with good
6 lighting assistance and so forth, typical microscope, but a
7 sophisticated one and fairly expensive.

8 Scanning electron microscopy is a technique in which a
9 sample is placed in an instrument, it's bombarded with an
10 electron beam, and that electron beam then is reflected on to
11 a mirror of sorts and that produces an image, and that image
12 is of a surface that it's looking at. It can be very high
13 magnification, five, six, seven thousand times, and it's a
14 good way of looking at fine structure of a material.

15 Q. Under the heading conclusions, the second bullet point
16 reads, "Degradation in Prolene is still increasing and PVDF,
17 even though a few cracks were found, is still by far the most
18 surface resistant in-house made suture in terms of cracking."

19 What does that report mean from a scanning electron
20 microscopy perspective?

21 A. Well, it suggests and states that the surface of the
22 Prolene explant that they saw cracks and that it would -- they
23 think it will continue to crack.

24 Q. And as a polymer chemist, if there is degradation in
25 terms of cracking in the polypropylene, what would you expect

—THAMES - DIRECT - THOMAS—

1 to find?

2 A. You would expect -- you would not only expect to find,
3 you would find a loss of, and they allege that this is
4 degradation, a loss of molecular weight, and you would change
5 different properties, tensile strength and elongation would be
6 changed from the normal not exposed sample.

7 Q. Now, let's go back to the page 115 right before and under
8 IR and IR microspectroscopy. Could you tell the jury what
9 that is, please?

10 A. IR microscopy is infrared microscopy where an electron
11 beam hits the sample, reflects back to a sensor and it shows
12 the picture of the surface that you're looking at.
13 Microspectroscopy is looking at a very, very fine small point
14 under a microscope. In other words, if you find an area under
15 a microscope, you can zero in on a very, very small area and
16 run an infrared spectra or see the spectra of that particular
17 compound, whatever that might be at that pinpoint type area.

18 Q. And this report is October 15, 1992, is that right?

19 A. Yes, sir, that's correct.

20 Q. And that's seven years into the test?

21 A. Yes, sir.

22 Q. Under the second paragraph, under the IR and IR
23 microspectroscopy, it reads, "IR microspectroscopy was used to
24 examine cracked areas in Ethilon, Novafil and Prolene." Just
25 for the jury's benefit, what are Ethilon and Novafil?

—THAMES - DIRECT - THOMAS—

1 A. They're not Prolene, they are different structures of
2 materials, sir.

3 Q. It says, "IR spectra obtained for cracked Prolene
4 specimens, paren, figure A, showed possible evidence of slight
5 oxidation, paren, a broadened weakened absorbance at about
6 1650 C M minus one."

7 What does that mean to you as a polymer chemist?

8 A. Well, when I see the term *shows possible evidence*, that
9 means it's not clear and not concise, that it's possible.
10 Most anything is possible. Then they say a broadened weak
11 absorption at about 1650 reciprocal centimeters. My mind
12 would jump to the fact that the 1650 reciprocal centimeters is
13 an absorption frequency in the infrared that would be an area
14 where you would expect to see proteins perhaps, and that more
15 likely than you would see proteins then, of course, you would
16 see any degradation from polypropylene or Prolene. So my
17 feeling is that we are looking at something that's not
18 Prolene, it may even be an acid salt, but not Prolene.

19 Q. How would proteins get on to this suture?

20 A. Proteins are in flesh. When they take the explants out,
21 and they didn't clean these in any way, they didn't put them
22 in any sort of chemicals for anything, they're on flesh, you
23 would expect to see proteins from the flesh.

24 Q. Down the next paragraph there's a heading for IV and GPC.
25 What is GPC?

—THAMES - DIRECT - THOMAS—

1 A. Gel permeation chromatography.

2 Q. Tell the jury what GPC does.

3 A. GPC is a standard method for determining molecular
4 weight. If I wanted to know the molecular weight of a
5 polymer, I would use the instrument called the gel permeation
6 chromatograph. What you do in a situation like that is you
7 dissolve the polymer in a solvent, typically halogenated
8 hydrocarbon. You inject it into a machine that has columns in
9 it that separate chemical species by virtue of their size and
10 molecular weight. And as they elude from that column, the gel
11 permeation chromatograph measures the numbers of materials,
12 the weight, puts it into the computer, and at the end of the
13 run it provides you information about the molecular weight of
14 the sample that was just analyzed.

15 Q. And read this with me for the jury, please. It says,
16 "Gel permeation chromatography, paren, GPC, was run on Prolene
17 sutures explanted from dogs after seven years." What does
18 that mean?

19 A. They took the sutures, Prolene sutures from the dogs
20 after seven years.

21 Q. And it says, "The GPC data was compared to data from a
22 current 4 slash O Prolene suture." What does that mean?

23 A. That means that they took the experimental sample from
24 the dog and they ran its molecular weight, and then they
25 compared it to a pristine sample of Prolene that had never

—THAMES - DIRECT - THOMAS—

1 been introduced to anybody, right out of the box so to speak,
2 brand new.

3 Q. And why do you do that kind of analysis?

4 A. Called a control. In other words, if we want to know if
5 the molecular weight of the Prolene was reduced while it was
6 in the dog, then we need a standard or control, so we use the
7 unused, unimplanted material as a control. This is what your
8 molecular weight ought to be. And then you test the sample
9 from the dog and say, well, is it the same or is it within
10 experimental error. If it is, then nothing has happened to
11 the molecular weight this seven years. The polymer has not
12 degraded.

13 Q. So continue reading on with me. "The results indicate
14 that there was no significant difference in molecular weight
15 between the 4 slash 0 Prolene control and the seven year
16 explants."

17 What does that mean to a polymer chemist?

18 A. That means that there was no degradation because of
19 implantation of Prolene in the dog over a seven year period of
20 time.

21 Q. Had there been degradation, what would you expect to see?

22 A. You would have seen a reduction in molecular weight would
23 be one thing that you would expect to see.

24 Q. I want to direct your attention now to page 153 of this,
25 of exhibit 23228. 153 is an interim report dated October 19,

—THAMES - DIRECT - THOMAS—

1 1992. Do you see that?

2 A. Yes, I do.

3 Q. Tell the jury what this document is.

4 A. Well, this is a document that shows the physical
5 properties of explanted materials, in particular we're
6 interested in Prolene. It measured the physical testing, it
7 took these samples and did tensile strength and elongation
8 studies on them and we talked about that earlier today. And
9 they have a chart in here which I think we'll get to a little
10 bit later that will show you what happens to the physical
11 properties of Prolene over the seven year period.

12 Q. And what were the findings -- strike that.

13 What different tests did they run, Dr. Thames?

14 A. They ran tensile strength test, which is the pull test,
15 to determine how much force you have to put on the sample to
16 break it. And then they determined elongation, which is how
17 much did it extend before it finally broke. And finally they
18 looked at modulus, which is a measure of stiffness to see if
19 it was stiffer than when it was implanted or as stiff as when
20 it was implanted just to get a handle on what the
21 characteristics of stiffness was of that explanted material.

22 Q. Dr. Thames, what did Ethicon find after seven years of
23 implantation happened to the tensile strength of these
24 sutures?

25 A. They found that the tensile strength was reduced, as far

—THAMES - DIRECT - THOMAS—

1 as my memory, it's about five PSI, slight reduction in the
2 strength required to break the Prolene sample.

3 Q. What did the Ethicon scientists find with respect to
4 elongation?

5 A. The sample elongated twice its original length. The
6 first length was like 37, 38, something like that, I forget
7 exactly the number, and finally upon explantation after seven
8 years it was twice as elongatable.

9 Q. And what's the significance of the changed elongation?

10 A. Well, when you talk about just a very, very small
11 reduction in strength and a very long elongation, the area
12 under that curve of is far greater after seven years of
13 explantation than before.

14 Q. Tell the jury and me what it means, area under the curve,
15 as it relates to the ability of the suture to perform its
16 intended function.

17 A. Remember we talked about the fact that the area under the
18 curve was a measure of toughness. So what that means is that
19 not only, not only did the Prolene explant not undergo
20 degradation, but it improved with implantation. It became
21 tougher. It became more elongatable with only a very minor
22 reduction in tensile strength. So it was a tougher strand of
23 polypropylene after seven years than it was when it was
24 implanted in the dog.

25 Q. Finally, Dr. Thames, you talked about Young's modulus

—THAMES - DIRECT - THOMAS—

1 test?

2 A. Yes, sir.

3 Q. Tell the jury about that, please.

4 A. Modulus is a test of toughness and typically slope of the
5 curve, and since I didn't have the exact numbers I couldn't
6 give you a curve that showed the exact shape of the modulus
7 curve, but it's reduced somewhat, and that means the stiffness
8 was reduced a bit during the period of time when it was being
9 implanted. So we, we reduced stiffness, we improved
10 elongation with very minor changes in tensile strength, and so
11 overall the properties were enhanced during the seven year
12 implantation.

13 Q. Dr. Thames, I put a slide up there that shows the data
14 and the cover page, page 115, so the jury can see it.

15 A. Yes, sir.

16 Q. And the citation there says that "Novafil samples show a
17 decrease in breaking strength while Prolene and PVDF showed no
18 significant change after seven years of implantation." That
19 refers to the breaking strength?

20 A. Yes, sir. Or tensile strength, the same thing. We're
21 going to call it the same thing, okay.

22 Q. And is the other data up there, is that the data upon
23 which you relied for your opinions about the Prolene becoming
24 tougher after seven years?

25 A. Yes, sir.

—THAMES - DIRECT - THOMAS—

1 Q. Can you explain to the jury how you read that data just
2 so they understand?

3 A. If you look at the chart that says, the first segment
4 says zero in the top line, that's at implantation. That's at
5 the beginning. And then they measured the breaking strength
6 or the tensile strength was 1.68, that's before it was ever
7 implanted. And then after implantation, which is over here
8 under the seven column, breaking strength is 1.60. So the
9 actual strength to break it reduced by point 08 pounds or
10 Newtons.

11 And then in terms of the elongation, which is the
12 second group of numbers, the original elongation was 37
13 percent at zero time of implantation. After seven years it
14 was 78 percent, 78 percent, which means that it's doubled its
15 elasticity during the period of time that it was implanted.

16 And then the modulus was originally 721 and it went to
17 214 after seven years with a reduction of minus 70 in modulus,
18 meaning it became more flexible, more pliable, less stiff.

19 Q. Can I have the next slide, please, Jamie?

20 Dr. Thames, I have a slide up called *Seven Year Dog*
21 *Study Break Strength Versus Percent Elongation*. Can you
22 explain that to the jury, please?

23 A. Yes, sir. I've taken the numbers that we just talked
24 about in the table by the Ethicon scientists and I have
25 plotted them in terms of breaking strength is on the vertical

—THAMES - DIRECT - THOMAS—

1 column or tensile strength, elongation is on the horizontal
2 column. The red represents the original Prolene before it was
3 inserted into the dog, implanted; the blue represents the data
4 that was collected from the explanted sutures after seven
5 years of implantation in the dogs.

6 You'll notice the blue had a very small decline in
7 breaking strength, as we said, point 08 pounds, but its
8 elongation went out to 78 percent. So if I measure the area
9 under the red and compare that area to the area under the
10 blue, we can see that the area under the blue is twice or
11 perhaps more than the area under the red. And when we
12 understand that the definition of toughness is area under this
13 stress strain curve, it's obvious then that the Prolene
14 implant improved its toughness over the period of the seven
15 years it was implanted in the dog.

16 Q. And from a polymer chemistry perspective, how can it
17 improve?

18 A. It can improve by being able to be plasticized. For
19 instance, we talked about the fact that polypropylene was a
20 group of chains and you pull them, and as you pull them they
21 began to stretch out and so forth, like the spaghetti that we
22 talked about. Well, if you implant this in an animal or in
23 human flesh, the body, there are lipids there, there are fats.
24 Unfortunately there's probably too much on those that would be
25 put in me because I'm a little overweight, but every human

—THAMES - DIRECT - THOMAS—

1 body has a certain amount of fat in it, and those lipids are
2 fats, they're triglycerides, we go to the doctor and have our
3 triglycerides and our cholesterol looked at, and we know we
4 have them in our body. Well, they can plasticize and make
5 more pliable a molecule like Prolene.

6 Now, in order to understand that, I think I have to
7 maybe use a human example. There have been times when, you
8 know, I've worked in my shop and I've gotten grease on my
9 hands and I've wiped it off with an organic solvent, and my
10 hands felt dry and they felt rough. First thing I do is reach
11 over for some hand lotion and rub it into my hands. I bet you
12 there's been times when most of you all and I've also washed
13 dishes at times. And when you get through washing dishes,
14 your hands feel a little dry and a little rough, and the first
15 thing you do is you reach over and put lanolin and lotion on
16 them. What you're doing is putting a plasticizer on your
17 hands so that the plasticizer can soften, move in between the
18 molecules of your hand, your flesh, and provide elasticity and
19 lubricity, and that's what happened here is the lubricity has
20 been improved for the polypropylene or Prolene implant.

21 Q. How about a little glass of water?

22 A. I think I need it.

23 Thank you.

24 Q. Next slide, please, Jamie.

25 Jamie, next slide, please.